

STATE OF MICHIGAN

IN THE 30TH DISTRICT COURT FOR THE COUNTY OF WAYNE

THE PEOPLE OF THE STATE OF MICHIGAN,

vs

Case No. 962740FY

C.C. 97-8841

JOSE E. RIVERA

Defendant

PRELIMINARY EXAMINATION

BEFORE THE HONORABLE L. KIM HOAGLAND - DISTRICT JUDGE

Highland Park, Michigan - Tuesday, October 22, 1997

APPEARANCES:

For the People:

Mr. Mark Blumer (P24029)
Assistant Attorney General
6820 Mercantile Way #1
Lansing, MI 48913-0001
(317) 334-6010

For the Defendant:

Mr. Max R. Hoffman (P23193)
Mr. Richard Kraus (P27353)
4371 E. Haydorn Rd. #3
Lansing, MI 48923-5335
(317) 251-3700

Recorded By:

Ms. Nicole M. Jackson - CER 5999
(313) 252-0244

RECEIVED

1 training requirements and ethical responsibilities of
2 forensic scientist performing ink dating examinations.

3 A Yes, I am.

4 Q To your knowledge, is that the format which was used to
5 guide your residency?

6 A Yes. It was written by the person who did my, or was
7 in charge of my residency. Yes. That was the format
8 and also the goal of the training.

9 MR. KRAUS: Your Honor, based on that record, I
10 have argument to present on the admissibility of the
11 relative aging of ink.

12 MR. BLUMER: Before counsel makes his argument,
13 your Honor, I'd like some follow up questions, because
14 I wasn't prepared for what's turned into a Frye Hearing
15 on this issue.

16 THE COURT: All right.

17 MR. BLUMER: Mr. Speckin, limiting this discussion
18 to the concept of relative ink dating by extraction,
19 did you in the performance of that test follow chemical
20 procedures which are used and recognized outside of the
21 field of chemical ink dating as appropriate procedures
22 for analytical chemical testing?

23 THE WITNESS: Yes. I mean the chemical methods for
24 determining it. the people that developed these
25 techniques just simply used techniques that were

1 already existing for other fields of expertise and
2 applied them to the dating of inks.

3 MR. BLUMER: All right, so you didn't invent any
4 new techniques that are utterly unique to ink testing
5 as you described. is that correct?

6 THE WITNESS: No.

7 MR. BLUMER: Neither did your mentors?

8 THE WITNESS: I would say that the idea of how to
9 apply it was new, but they didn't just come up with it
10 out of thin air and say, gee, if we did this and this
11 we could date inks..

12 MR. BLUMER: All right. So then, is it correct
13 that the techniques used are otherwise standard in the
14 field of analytical chemistry, aside from any forensic
15 application?

16 THE WITNESS: Oh yes.

17 MR. BLUMER: And used in multiple applications?

18 THE WITNESS: For instance, the dye analysis could
19 be used by anything that has color in it. Paints, or
20 anything like that.

21 MR. BLUMER: All right. So then, what is different
22 about your field is simply the application of the
23 results of these testing techniques to forensic
24 concept, is that correct?

25 THE WITNESS: Yes.

1 MR. BLUMER: Thank you. Nothing further, your
2 Honor.

3 THE COURT: All right. At this time, you're moving
4 to have this witness declared an expert?

5 MR. BLUMER: Yes, your Honor, in two separate, but
6 related fields: forensic document examination and the
7 field of forensic ink dating by extraction.

8 THE COURT: All right, and Mr. Kraus, you have an
9 argument to that.

10 MR. KRAUS: Yes, your Honor. My argument is based
11 on the leading case dealing with qualification of, or
12 the admissibility of expert testimony about new
13 scientific techniques, it's People versus Young, 425
14 Mich 470. It's recently been applied by the Court of
15 Appeals in People versus Haywood, 209 Mich Appeals 217,
16 1995.

17 What those cases hold is that when a particular
18 scientific technique has not been previously recognized
19 in any appellate decisions, it's incumbent upon the
20 proponent of the evidence to establish that the
21 technique has achieved general acceptance within the
22 relevant scientific community. More specifically, the
23 Supreme Court in Young held that because the
24 reliability of the testing depends on application of
25 scientific principles, proof must be derived from

1 scientist with an understanding of the theoretical
2 basis and direct and peer review experience with the
3 procedure.

4 It must constitute a group large enough to make a
5 fair determination of whether or not the test is
6 generally accepted by experts in the scientific
7 community. And more specifically, proof of reliability
8 may not be based on the testimony of technicians who
9 perform and apply the test. Specifically, and the
10 Supreme Court held in Young, and the Court of Appeals
11 held in Haywood, proof must come from disinterested and
12 impartial witnesses whose livelihood is not in
13 intimately connected to the new technique. In Young in
14 footnote 24, the Supreme Court held that a court cannot
15 rely on the testimony of the person who developed the
16 technique and his or her disciples to establish the
17 admissibility of the scientific technique. Such
18 testimony, according to the Supreme Court, cannot
19 substitute for the scrutiny of the marketplace of
20 general scientific opinion.

21 On voir dire, this witness testified that there is
22 a very small group of persons engaged in this
23 technique. Approximately seven in the United States.
24 He testified that Dr. Burnell or Mr. Burnell and Dr.
25 Cantu are developers of the technique. He was trained

1 by Mr. Burnell and it's his job and he's entitled to be
2 paid for his job, but is a person whose livelihood
3 depends on this technique. Simply stated, this
4 witness, under People versus Young, does not meet the
5 standard for establishing the general acceptance of
6 this technique within the scientific community.

7 I specifically asked had there been any published
8 scientific literature confirming - confirming the
9 reliability of this technique outside of papers
10 presented by the people who developed it. None were
11 identified. In fact, the only papers which we showed
12 by Mr. Burnell in 1989 and by the witness in 1995, or
13 '96, indicate that there is not wide acceptance of this
14 technique and that scientist differ on the reliability.
15 So based on the total lack of qualified and appropriate
16 testimony presented by the Attorney General, I ask the
17 Court to rule that the relative aging of ink through
18 the percent extraction test does not constitute
19 recognized scientific knowledge within MRE 702.

20 MR. BLUMER: Your Honor. I have several responses
21 to counsel's argument. The first is this Court is not
22 required to reinvent the wheel every time a scientist
23 comes before it. There have been a number of recent
24 cases starting with a case in which I was prosecution
25 counsel. People versus David Davis 199 Mich App 502.

1 1993. where the Supreme Court denied leave to appeal
2 which held, specifically, and I think they used the
3 phrase, the court is not required to reinvent the wheel
4 every time a scientific test is presented. The reason
5 why I asked Mr. Speckin the follow up questions that I
6 did, were very specific based on the holding in David
7 Davis; and that is, the techniques he is using in
8 reaching his scientific here are otherwise usable in
9 the broad scientific chemistry community. They are
10 completely accepted and literally in everyday use in
11 multiple scientific fields. All he has done is
12 reinterpreted the data from those tests for forensic
13 purposes and applied them in perhaps a unique way, but
14 the test itself is not unique. It is simply an
15 accumulation of testing and then reapplying it to
16 forensic purposes. That is specifically what the David
17 Davis case stands for.

18 In addition, your Honor, for instance, I cite the
19 Court the case of People versus Adams, 195 Mich App
20 267, 1992 case where the Court said enough is enough.
21 we don't have to retest DNA testing for Frye purposes.
22 it's been done. It has been accepted.

23 Now, number one, I propose to the Court that Mr.
24 Speckin's techniques are in everyday chemistry use
25 around the country for a multitude of purposes and all

1 he's done is applied them to forensic conclusions. And
2 in addition, I suggest that similar to the DNA concept,
3 enough is enough. He has been accepted in the last 18
4 months, as an expert by two different circuit judges
5 within this county. A circuit judge in Genesee county
6 and at least one federal judge in another state, and in
7 addition, by the administrative law judge in this case.
8 And your court - this court is well aware, I'm sure by
9 now, that there was an administrative law hearing in
10 Lansing dealing with the same facts and the same issues
11 as the case that is before this court and Mr. Speckin's
12 testimony was accepted after a Frye Hearing in this
13 case before the administrative law judge in Lansing.

14 MR. HOFFMAN: I'm sorry, I just have to indicate
15 I'm counsel in that case and Mr. Blumer isn't and I
16 want to save him the embarrassment of a misstatement.
17 It's true that - that - that Mr. Speckin has testified
18 in that case. The judge has however, reserved findings
19 in that case and has not rendered any opinions. While
20 Mr. Blumer may sometimes be correct, he may also not
21 be.

22 MR. BLUMER: I'll withdraw that assertion, your
23 Honor. However, there's my understanding that Mr.
24 Speckin was allowed to give testimony in that case
25 which is now being considered along with the Frye

1 issues by the administrative law judge. But Mr.
2 Speckin was allowed to give testimony in that case
3 which is now being considered along with the Frye
4 issues by the administrative law judge. But Mr.
5 Speckin's testimony stands unchallenged that he has
6 been accepted at least twice within this very county,
7 by circuit judges on the issues of relative extraction,
8 ink dating -- relative dating by extraction, I'm sorry.
9 Therefore, your Honor, based upon both the case of The
10 People versus David Davis and this witnesses testimony
11 that he has already been accepted on multiple occasions
12 by many different judges as experts in this field, I
13 ask the Court to accept him as an expert in these two
14 fields and allow his testimony to continue.

15 MR. KRAUS: Just very briefly, your Honor. My
16 understanding of voir dire is that Mr. Speckin has been
17 accepted by one circuit judge in this county dealing
18 with the relative aging of ink. However, that's
19 irrelevant.

20 Under People versus Young, it's a question of
21 whether Appellate Courts have ruled that a scientific
22 technique is reliable. In the Davis case and in the
23 Adams case, they specifically cited other opinions
24 accepting a specific scientific technique. Absent such
25 appellate guidance, People versus Young and People

1 versus Haywood. indicates that a trial court or any
2 court at which evidence is offered is required to
3 compel the proponent to present disinterested and
4 impartial testimony that it's generally accepted within
5 the scientific community.

6 It may -- certainly in the Davis -- dealing with
7 DNA for example, enough is certainly enough. DNA has
8 been around. it's been accepted by the State Supreme
9 Court, it's been accepted by numerous appellate courts
10 throughout the country. Through west law searches,
11 lexis searches. and Internet searches, I was unable to
12 find any appellate decisions accepting the validity of
13 percent extraction testing for the relative aging of
14 inks. So under People versus Young, the burden of
15 proof is under Mr. Blumer.

16 THE COURT: The Court has had the opportunity to
17 listen to the oral argument presented with regards to
18 the defendant's objection that Mr. Speckin be admitted
19 as an expert witness. I would have to agree with Mr.
20 Blumer, and that is that Mr. Speckin has already
21 indicated that he has been -- he has testified as an
22 expert witness on a number of cases, including at least
23 two to three in Wayne County Circuit Court. and one in
24 Genesee County as well as in Federal Court.

25 Further. it is my understanding that Mr. Speckin

1 has also testified in the Licensing Board action.
2 against this defendant. However, it is my
3 understanding from Mr. Hoffman, that that decision is
4 still pending.

5 Based on the - the testimony Mr. Speckin, in terms
6 of his educational background, as well as his
7 experience and extensive training, the Court is
8 satisfied that Mr. Speckin is an expert witness in the
9 area of forensic document examination, as well as
10 forensic ink dating.

11 MR. BLUMER: Thank you, your Honor. May I proceed?

12 THE COURT: You may proceed.

13 MR. BLUMER: Thank you.

14 **DIRECT EXAMINATION**

15 MR. BLUMER: (Continuing)

16 Q Mr. Speckin, to clarify for the Court please, let's
17 distinguish between your two fields of expertise. That
18 of general document examination and that of forensic
19 ink dating, all right?

20 A Okay.

21 Q Now, when you - when you were first asked to become a
22 consultant in this case, is it correct that that was
23 done at the request of an attorney on the staff of the
24 Attorney General's office name Mary Rosenberg?

25 A Yes.